

1 PLANNING BOARD COUNTY OF ALBANY  
2 TOWN OF COLONIE

3

4 \*\*\*\*\*

5 MATHESON GAS  
6 APPLICATION FOR FINAL SITE PLAN APPROVAL  
7 15 GREEN MOUNTAIN DRIVE

8 \*\*\*\*\*

9 THE STENOGRAPHIC MINUTES of the above entitled  
10 public hearing BY NANCY STRANG-VANDEBOGART, a  
11 Shorthand Reporter, commencing on  
12 September 13, 2011 at 10:05 p.m. at the Public  
13 Operations Center 347 Old Niskayuna Road,  
14 Latham, New York 12110

15 BOARD MEMBERS:

- 16 PETER STUTO, Chairman
- 17 LOUIS MION
- 18 KATHLEEN DALTON
- 19 TIM LANE
- 20 PAUL ROSANO
- 21 TOM NARDACCI
- 22 MICHAEL SULLIVAN
- 23 ELENDA VAIDA, Esq., Attorney for the Planning Board

24 Also present:

- 25 Joseph LaCivita, Director, Planning and Economic  
Development
- Chuck Voss, PE, Barton and Loguidice
- Tom Andres, PE, ABD Engineers and Surveyors
- Randy John, Project Engineering Manager, Matheson  
Gas
- Joe Barnett, Director, Safety Engineering, Matheson  
Gas
- Mike Muller, Northeast Operations, Matheson Gas

1                   CHAIRMAN STUTO: Matheson Gas,  
2 application for final site plan approval,  
3 15 Green Mountain Drive.

4                   Joe, I'll let you make an introduction.

5                   MR. LACIVITA: I'll just give you a brief  
6 history, Peter.

7                   The project was before the Planning  
8 Department in November of 2010 with a DCC  
9 submission. It went through review at that  
10 point in time. In March of 2011 the Planning  
11 Board reviewed it and gave concept acceptance  
12 at that time.

13                   As you said, the project is here before  
14 us tonight for final site plan approval. The  
15 applicants are here from Matheson Gas. Chuck  
16 Voss is our Town Designated Engineer.

17                   CHAIRMAN STUTO: Okay, we'll hear from  
18 the applicant.

19                   MR. ANDRESS: I'm Tom Andress with ABD  
20 Engineers and Surveyors.

21                   As Joe had mentioned, this was back in  
22 February that we went through the concept  
23 review with this Board. The plan that we  
24 actually have before you is the same plan when  
25 we came to the Board in February. We had

1           actually completed all the details on the  
2           plan. So, other than a couple of minor little  
3           tweaks of details and things like that,  
4           everything that you see on the plan is the  
5           exactly same that you saw at concept.

6                     Just a quick reminder of what the plan  
7           is - we have representatives that have come up  
8           from New Jersey and elsewhere, along with the  
9           project architect also in the audience who  
10          will do a quick presentation after this. Then,  
11          if the Board has any questions, we'll answer  
12          those.

13                    There were some technical questions, I  
14          think, at the concept meeting. We were able to  
15          provide you with a lot of information about  
16          the site. We had a limited amount of technical  
17          information. Since that time, the Board has  
18          been provided with numerous studies for safety  
19          issues. We had a whole presentation that we  
20          were going to do with an overhead projector,  
21          but at this point in time it's a little too  
22          late at night to try to worry about that.  
23          Certainly, we'll be able to give you all the  
24          information that you need.

25                    Again, this project is rehabilitating an

1 existing building at 15 Green Mountain Drive.  
2 Matheson Gas has rented the whole facility.  
3 They're going to be doing remodeling inside to  
4 use a portion of it for offices and some for  
5 some inside gas storage. We'd like to work on  
6 the outside to make it a little bit more  
7 presentable. It's a metal building and it's  
8 not quite attractive as it could be. We're  
9 going to do some work on that.

10 Then, we're creating an area that is  
11 shown here in blue (Indicating) that will be a  
12 fenced area that will be the control storage  
13 area for an outside kiosk.

14 We have approximately 3,200 square feet  
15 of outdoor storage building. Two of them are  
16 enclosed and one of them is actually an older  
17 lean-to. Those buildings are very  
18 sophisticated. As you go through the package,  
19 you'll see a copy of what one looks like and a  
20 lot of safety features. They have a full water  
21 system for fire protection - that's something  
22 that we're bringing in.

23 As far as the site improvements, we're  
24 utilizing the existing site. We're just adding  
25 a little bit more pavement so that when the

1 truck comes through, it has a little bit  
2 better turning motion as they unload the  
3 cylinders off the fork trucks and bring them  
4 to the storage area.

5 The site is currently on a sand filter  
6 system. It does have a current SPDES permit,  
7 but we are proposing as part of this project  
8 to bring it up to public sewer.

9 A number of years ago our firm designed a  
10 public sewer on the other side of the road as  
11 a binder system. We'll get this off the SPDES  
12 permit and get it into the sewer system where  
13 it should be.

14 The water system is being upgraded. We're  
15 bringing an 8-inch line into the building and  
16 that will service the fire protection systems  
17 for the small building to the rear. Then,  
18 we're updating a little from the stormwater  
19 management side to what is required with the  
20 new regulations.

21 This is a site that is under the one acre  
22 of disturbance so while we don't have to  
23 conform with all the SWPPP requirements of  
24 DEC, we're still obviously doing the  
25 stormwater management along with the erosion

1 control in the same manner.

2 So, that's sort of the overall view. What  
3 I'd like to bring up now is Mike Muller. He is  
4 the Northeast Operations Manager for Matheson  
5 Gas. He'd like to do a brief presentation to  
6 you and then we'll be open for some comments  
7 from the Board.

8 MR. MULLER: Thank you, Tom. There are  
9 three portions to the booklet that was handed  
10 to you earlier. We'd like to go through the  
11 first few slides to give you an overview of  
12 Matheson and then hand it off to Randy John  
13 who is the project engineer for the specific  
14 buildings. Then we'll hand it off to Joe  
15 Barnett, who is the President of Compliance  
16 and Safety Engineering to go through the  
17 safety aspects of the project.

18 Matheson is an old company. It was  
19 founded in 1929. It actually pioneered  
20 technology of gasses way back then.

21 On Page 3 there is a map of our  
22 footprint. You can see that we're pretty much  
23 covering the whole country - 200 locations and  
24 about 3,000 employees. You can see the dock in  
25 New York. That's a research center at Albany

1 Nano. We've been doing research for two or  
2 three years with IBM to try to develop the  
3 next phase of the silicon chips, the next size  
4 down.

5 Moving onto slide 4 - what we stand for.  
6 There a bunch of things there, but there are  
7 two that I want to point out; safety and  
8 environmental protection. In our type of  
9 business if we don't put safety number one,  
10 we're not in business very long. When you deal  
11 with gasses, we need to do it right. To do  
12 that, we empower all the employees to bring up  
13 the safety issues. We move forward and we  
14 actually have a right of refusal to do  
15 anything without ramifications of the company  
16 to identify something that is an issue. In our  
17 company sometimes the frontline guys will see  
18 something that the managers don't, so that's a  
19 really important value.

20 Page 5 just kind of shows the different  
21 things that we touch; welding, cutting, food,  
22 beverages, Co2, home heating propane, oil  
23 industry, medical, oxygen and of course the  
24 electronic business like Albany Med and Global  
25 Foundries.

1           The next page is a picture of our Nano  
2           bubbler which is over at Albany Nano with IBM.  
3           I've actually seen that and it's quite  
4           extensive. It's a pretty neat facility over  
5           there. One of the reasons why we're trying to  
6           establish a depot over here in Albany is  
7           presently we're servicing this area out of  
8           Boston. That's quite a ride. That's about four  
9           hours by truck. We don't have any other  
10          facilities close to this area. So, we're  
11          trying to support the technology and the type  
12          of companies that are in this area by having a  
13          depot right here that we could just distribute  
14          from and react quickly to our customers.

15          Slide seven is just a little bit on the  
16          safety culture again. Every employee is  
17          empowered to bring up a safety issue. The  
18          culture is what is key.

19          Page 8 is just some data on benchmarks in  
20          the industry. We have no recordable injuries.  
21          Those rates are calculated for every 200,000  
22          man-hours of work. With vehicles it's every  
23          one-million miles of driving. Based on all  
24          this that is shown, we are big in our field.  
25          It's hard to compare because some businesses



1           only deal with the big tanker trucks that you  
2           see over the road, which tend to have less  
3           incidents than the small welding cylinder  
4           movement. When you compare apples to apples,  
5           we're right there.

6                     Slide nine is just a picture of an  
7           example of some of the life safety systems  
8           that we have. Joe will talk more about that  
9           later. Basically, we do air monitoring. Any of  
10          the areas that are considered high hazard or  
11          toxic gasses. We monitor it down to two parts  
12          per billion, which is very, very fine. Way  
13          before an issue, we want to know what's going  
14          on. That's the lower right hand picture  
15          (Indicating).

16                    The upper right hand picture is called  
17          taped detectors. That's basically like a  
18          Teflon tape that you can use up and around  
19          cylinders to detect leaks that are very, very  
20          fine. This is a system that we've been known  
21          to do for many years.

22                    Moving onto slide 10 - this is just a  
23          vendor's letter to us.

24                    "They handled disposal of cylinders in a  
25          proper way"

1           One of the cultures that we created at  
2 Matheson is a lot of people in our type of  
3 industry can take cylinders that are kind of  
4 not right and stuff them in a corner. They  
5 don't know what it is. Maybe it was in a fire  
6 and they all collect. We made it a point to  
7 collect all of those cylinders in our  
8 facilities, or in our customer's facilities,  
9 and properly dispose of them. This is just a  
10 letter saying that we are by far the leader in  
11 that industry. It's just an indication of the  
12 safety culture that we have at Matheson. It's  
13 expensive to do that, but it's worse when one  
14 of those cylinders have an issue.

15           Slide 11 is just a reiteration of the  
16 technology that we're trying to support here  
17 in Albany. Creating a depot and distribution  
18 is really key to that. Again, for the IBMs,  
19 the Global Foundries and the Rensselaers and  
20 places like that.

21           That slide is some of our competition on  
22 Page 12. Air Gas and Air Products are all  
23 here. They're here with their trucks and they  
24 deliver to their customers. So, some people  
25 have some depots close by. We're hoping to

1 level the playing field and have one of our  
2 own.

3 With that, I'd like to pass it off to  
4 Randy John who is the Project Engineer that  
5 will tell you all about the buildings.

6 MR. JOHN: Thanks, Mike.

7 I'd like to first review the project  
8 scope in a little bit more detail than what  
9 Tom talked about.

10 The scope of the project is to modify a  
11 leased building and site located on a 3.2 acre  
12 parcel at Green Mountain Drive. The purpose of  
13 this is to store gas cylinders in support of  
14 the local electronics industry. Basically,  
15 what we're going to do is add some office  
16 space in the existing building in this corner  
17 of the building here (Indicating) and enhance  
18 the southside of the existing building and  
19 make it look more presentable. That would be  
20 along this wall of the building here  
21 (Indicating) and that would be by replacing  
22 siding, windows, doors, sidewalks, landscape  
23 and so forth.

24 The next portion of the project is to add  
25 two special purpose enclosed HPM buildings.

1           =These are specially built buildings. They  
2           have a 2R fire rating and there are four  
3           compartments in each one of these buildings.  
4           They're totally enclosed. I'll talk more about  
5           that in a minute. There is also an open  
6           lean-to structure here that is used to store  
7           gas cylinders (Indicating). These are a  
8           different type of gas cylinder. These are the  
9           flammable gas cylinders that store out here  
10          under a sprinklered enclosure (Indicating).  
11          We propose to connect to the city water and  
12          sewer systems, as Tom has talked about. There  
13          will be development of the site in adding  
14          pavement and fencing the perimeter of the  
15          property. We'll be adding security, gas  
16          detection systems, fire detection systems,  
17          sprinkler systems and special purpose  
18          abatement systems. We'll talk more about that.  
19          We'll also be making the building ADA  
20          compliant.

21                 I've included in slide 14 some pictures  
22                 of the existing site just for reference  
23                 purposes.

24                 We skip Page 15 which is a depiction of  
25                 this slide here (Indicating). We'll talk about

1 some of the safety systems that we propose to  
2 install in this project.

3 There are different types of gas  
4 cylinders that are stored here. Some contain  
5 an inert product. We'll have oxidizers,  
6 pyrophorics, flammables and toxic gas systems.  
7 There are different types of gas products,  
8 which we will inventory here. The facility  
9 design meets the 2010 Building Code of New  
10 York and the 2010 Fire Code of New York and  
11 the NFPA standards, as adopted by the Town of  
12 Colonie.

13 Gas cylinders will be stored and then  
14 redistributed from this proposed depot. They  
15 will not be filled or opened at this facility.  
16 So, there is no handling of the actual gasses.  
17 They are handling the cylinders, but there  
18 will not be any transfilling or opening of  
19 these cylinders.

20 The gas cylinders are leak checked prior  
21 to being transported to this proposed depot.  
22 The valves are then wired shut and a cylinder  
23 cap installed. The inert cylinders - this  
24 would be things like helium and  
25 nitrogen - would be stored in this bay of the

1 existing building. Flammable cylinders will be  
2 stored in the open flammable dock right in  
3 this area here which is a sprinklered  
4 structure (Indicating). All other cylinders  
5 will be stored in these two HPM Buildings that  
6 I talked about before, which are special  
7 purpose buildings that are constructed by US  
8 Chemical Storage Systems. These are ventilated  
9 buildings which means that we're drawing  
10 ventilation of air through these compartments  
11 to special purpose scrubbers. So, if there  
12 were a leak in any of these cylinders, which  
13 is highly unlikely, it would go through these  
14 scrubbers and be abated. We also have gas  
15 detection systems in these eight compartments  
16 of these two buildings so that we can detect  
17 any kind of leakage of part per million or  
18 part per billion basis. We also monitor the  
19 exhaust of the scrubbers to make sure that  
20 there is no particular matter coming through  
21 those scrubbers. These buildings are  
22 sprinklered. They have fire detection systems  
23 in so it's a very specialize building to  
24 control and maintain these cylinders.

25 All of these life safety systems such as

1 the ventilation systems and so forth are  
2 backed up by a secondary power system. That  
3 would include the gas detection systems,  
4 security systems and abatement systems.

5 The security features that we are  
6 installing at this site - we're putting a  
7 seven-foot high barbed wire fence around the  
8 perimeter of the storage area with three  
9 strands of barbed wire. We're installing a  
10 personnel badge and entry system. We're  
11 continually monitoring this site with motion  
12 detectors and a security camera. Also, we're  
13 continually monitoring the fire detection and  
14 gas detection systems remotely. As I mentioned  
15 before, everything will be backed up with an  
16 emergency power supply system.

17 I'll talk a little bit about the  
18 abatement system. In the remote chance that  
19 one of these cylinders would have a bubble  
20 leak, the gas would be routed through one of  
21 these three scrubbers that would be installed  
22 that would neutralize the gas (Indicating).

23 I talked about the gas detectors. If  
24 there were any leakage of any one of these  
25 cylinders that would activate a gas detector

1 in one of compartments - we'd know about it  
2 instantly and respond to it. Joe is going to  
3 talk a little bit more about our response in a  
4 situation like that.

5 I'm going to turn it over to Joe Barnett,  
6 the Vice President of Safety Engineering for  
7 our company. He's going to talk about some of  
8 the emergency response and hazard reduction  
9 plans that we have developed for this  
10 facility.

11 MR. BARNETT: Good evening. My name is  
12 Joe Barnett. I'm with Matheson.

13 As Randy mentioned, we have put together  
14 a plan for storage and distribution of gasses  
15 to the electronics industry in this area. We  
16 have developed a couple of items that are  
17 actually quite extensive documents; one of  
18 which is an Emergency Response Plan. The  
19 Emergency Response Plan is required by our  
20 company. It's also required by OSHA and we  
21 have a procedure that we follow when we put  
22 together an Emergency Response Plan. It takes  
23 us through all the steps and makes sure that  
24 we are in compliance with the regulations so  
25 that we include all the elements.



1           The Fire Chief has reviewed our Emergency  
2           Response Plan and found it to be acceptable.  
3           I'd like to go over a few items on there. The  
4           people have designated responsibilities and it  
5           calls out the things that we do in the  
6           unlikely event of an emergency. It's not just  
7           a gas release kind of emergency. It would be  
8           theft.

9           I don't know if this area has tornados. I  
10          know that you've had a lot of rain recently.

11          Any event that we would consider an  
12          emergency is covered in the Emergency Response  
13          Plan; phone numbers, Police, Fire Department  
14          people to contact and that type of thing.

15          Also, another document that we prepared  
16          that is quite extensive is called the Hazard  
17          Reduction Plan and that goes through and  
18          follows the Fire Code. Also, we follow the  
19          International Fire Code. Also, the cities and  
20          so forth have the right to make amendments or  
21          add things to the Fire Code and in this case,  
22          we would also follow those recommendations.

23          The Hazardous Reduction Plan goes through  
24          and looks at the Code and makes sure that we  
25          have put all the things in place that minimize

1 the hazards for storing gasses in this  
2 particular location. Life safety systems, gas  
3 detection systems and things that Randy has  
4 already talked about - scrubbers, emergency  
5 power - all of those things are included in  
6 the Hazard Reduction Plan. It's an engineering  
7 activity to minimize the risk of storage of  
8 the gasses.

9 Also in the Hazard Reduction Plan we have  
10 an engineering consultant. We use a  
11 Professional Engineer who has looked this over  
12 and had his input into that plan, as well.  
13 He's a well respected engineer in the  
14 industry. He does this kind of thing all the  
15 time so we like to have him look at our plans  
16 and make comments and make sure that we're in  
17 compliance with the Code.

18 Are there any questions?

19 MR. ROSANO: You used the phrase  
20 emergency power. Can you expand upon that?

21 MR. BARNETT: They're emergency  
22 generators.

23 MR. ROSANO: How is that powered?

24 MR. BARNETT: Diesel.

25 MR. ROSANO: How long can you keep that

1 power on?

2 MR. JOHN: We'd have to check on that.  
3 It's 24 hours.

4 MR. ROSANO: Just for the record. I'd  
5 like to know that.

6 MR. JOHN: We'll get back with you on  
7 that.

8 MR. LANE: We've had power off for longer  
9 than that.

10 MR. ROSANO: We just went through a  
11 lengthy outage period. It's important to us.

12 I do have a couple of questions and I  
13 don't know if you can help me with it.

14 When you receive a delivery, I imagine  
15 that it's on a rack truck because you're  
16 saying that you're going to be using a fork  
17 lift.

18 MR. BARNETT: Yes.

19 MR. ROSANO: You're going to unload these  
20 cylinders outside?

21 MR. BARNETT: They'll be unloaded onto a  
22 dock.

23 MR. ROSANO: The docks that are facing  
24 the north side?

25 MR. BARNETT: The buildings that they're

1 going into will have docks.

2 MR. JOHN: Depending on the type of  
3 cylinder, yes. They would either go inside the  
4 existing structure, into one of these special  
5 purpose HPM buildings or on the exterior dock.

6 MR. ROSANO: When they come in they're  
7 palletized?

8 MR. BARNETT: Yes.

9 MR. ROSANO: Everything is palletized and  
10 basically you're just taking it off with the  
11 forklift?

12 MR. BARNETT: That's correct.

13 MR. ROSANO: Is that of concern when  
14 you're talking about a forklift unloading a  
15 pallet? I've worked in a lot of places and  
16 sometimes the operators don't quite hit the  
17 pallet correct.

18 MR. JOHN: That's really the standard in  
19 our industry for handling these things.

20 MR. BARNETT: We follow the OSHA  
21 guidelines.

22 MR. ROSANO: Okay. Your deliveries - are  
23 they random deliveries? I'm not talking about  
24 shipping. I'm talking about receiving. Are  
25 they random or done in the day, night or as

1 needed? How does that work?

2 MR. JOHN: There would be a schedule to  
3 be worked out - a resupply schedule. There  
4 probably would be a truck once a week that  
5 would be coming up from our main supply  
6 facility in Tennessee to resupply this  
7 facility, or there would be a truck that would  
8 be coming over from our Gloucester facility.

9 MR. ROSANO: So, we're not talking about  
10 daily deliveries?

11 MR. JOHN: No, it probably would be one  
12 to two trucks a week.

13 MR. ROSANO: What about on the shipping  
14 side?

15 MR. MULLER: It depends on the market. I  
16 can foresee this being three days a week to  
17 start. Hopefully, it will go to five days.

18 MR. ROSANO: That would be the goal,  
19 right? Thank you.

20 MR. BARNETT: I'd like to touch on slide  
21 20 - Training. We have procedures and guides  
22 on training. We have OSHA regulations that we  
23 comply with. We have emergency response  
24 training. We have a system in place that is a  
25 computerized system which is called Your

1 Safety and everyone that has a need to know  
2 certain trained topics - that information  
3 comes out on the computer. A person goes  
4 through that material and in some cases they  
5 take a test. We have the monthly safety  
6 meetings and we also have toolbox talks every  
7 morning for five to ten minutes of safety  
8 training. We do a lot of training.

9 The next is just pictures showing the  
10 installation in our Colorado facility. There  
11 are some types of the buildings that we talked  
12 about.

13 MR. LANE: These are what the outdoor  
14 facilities will look like - the storage?

15 MR. BARNETT: Yes.

16 MR. LANE: Will the tanks be sitting on  
17 the ground?

18 MR. BARNETT: No, not on the ground. It  
19 will be paved concrete.

20 MR. LANE: As far as moisture - I guess  
21 what I'm saying is that these tanks are made  
22 of carbon steel, so that they can't rust.

23 MR. BARNETT: They could rust if they  
24 were setting in water. I think that's where  
25 you're going.

1           MR. LANE: Yes. It's my understanding  
2 that they should be off the ground so that  
3 they can't have contact.

4           MR. BARNETT: They're going to be sitting  
5 on concrete. When you do the construction, you  
6 do it so that the water flows away.

7           MR. ANDRESS: Let me help to answer that  
8 question. All the tanks are actually stored in  
9 these buildings, but in front of those  
10 buildings there is an elevation down so that  
11 when the pallets come in, they're put down on  
12 those pads. Those are actually being leveled  
13 with the concrete that goes into the building  
14 because these cylinders are so small and you  
15 actually transfer from the pallet by just  
16 rolling the cylinder in.

17          MR. LANE: How big are the cylinders?

18          MR. JOHN: They're all shoulder high or  
19 knee high. There are some that are a little  
20 fatter or some thinner.

21          MR. BARNETT: The average size cylinder  
22 is 44 liters. If you've seen a helium cylinder  
23 or oxygen cylinders - that's the kind.

24          MR. ANDRESS: So, to answer that,  
25 everything is on concrete. We've designed

1 everything so that this sits up higher  
2 (Indicating). It pitches down and this is a  
3 concrete area where that pitch is further down  
4 in the catch basin. There would be no water  
5 issues.

6 MR. LANE: Some of the types of gasses  
7 that you have - hydrogen fluoride, chlorine,  
8 hydrogen chloride, propane, acetylene, and  
9 ethylene - some are explosive and some are  
10 toxic. You have different storage areas, but  
11 we have Volunteer Fireman in the Town of  
12 Colonie. It's not a city. All the towns in New  
13 York State only have volunteer firefighters.  
14 So, the level of training in dealing with  
15 these types of issues may be excellent or they  
16 may not be. How do we know that if we have an  
17 event, they could handle it? What's to tell  
18 them what's there and how to handle that  
19 particular item?

20 MR. BARNETT: The Fire Department is  
21 going to know what we have. We train people -

22 MR. LANE: You train your guys, but not  
23 ours.

24 MR. BARNETT: I could train your guys.

25 MS. DALTON: That was one of my



1 questions. Do you have any training modules  
2 that you could use for our Volunteer  
3 Firefighters?

4 MR. BARNETT: I do. Let me explain a  
5 little bit about our Emergency Response  
6 System.

7 We train our people to handle the  
8 different events that might occur. Again,  
9 they're unlikely.

10 MR. LANE: But you have had them. You had  
11 a sylene release in 1997. You had hydrogen  
12 chloride in Colorado. There have been events.

13 MR. BARNETT: Absolutely. Events have  
14 occurred. Again, we train people to respond on  
15 whatever the leak might be and we have again,  
16 the scrubber system in place for this  
17 facility. We also have the capability to come  
18 in and contain a cylinder if we want to pick  
19 that cylinder up and transport it.

20 For instance, Randy mentioned our  
21 facility in New Johnsonville, Tennessee.  
22 That's where we manufacture some of our gasses  
23 that are toxic. If we have an event with one  
24 of those gasses, certainly our scrubber system  
25 would handle it, but what we would do is bring

1 in a containment vessel with trained people  
2 and we would put that cylinder in the  
3 containment vessel, which is DOT approved for  
4 transport, and we'd transported to a  
5 facility -

6 MR. LANE: If you had an event like that  
7 which was pretty much contained on site, would  
8 people still be notified? Would the Town of  
9 Colonie Emergency Services here still know?

10 MR. BARNETT: Absolutely, yes. That's  
11 part of our Emergency Response Plan.

12 MR. LANE: Is the Emergency Response Plan  
13 it says the EPA requires a worse case scenario  
14 plan for risk management. Is that something  
15 that is separate and aside from your emergency  
16 response?

17 MR. BARNETT: The Risk Management  
18 Plan - you have to exceed certain threshold  
19 quantities for particular gasses.

20 MR. LANE: For storage, you mean?

21 MR. BARNETT: Yes.

22 MR. LANE: So, you're saying that this  
23 facility is not large enough to require -

24 MR. BARNETT: It does require risk  
25 management. It does require PSM process safety

1 management. We have all the elements for that  
2 and it does require an Emergency Response  
3 Plan. Either risk management, which is EPA or  
4 PSM, which is OSHA - either one requires an  
5 Emergency Response Plan.

6 MS. DALTON: Does that include an  
7 evacuation plan?

8 MR. BARNETT: Absolutely.

9 MS. DALTON: Again, are our people going  
10 to be trained in the emergency response?

11 MR. BARNETT: We also have a commercial  
12 response company called SRS; Specialized  
13 Response Solutions. They are located in Texas,  
14 which is where I'm from. They also have a  
15 network of subcontractors. They do actually  
16 have one in Albany. What we will do as we get  
17 further along with the project - we'll come up  
18 and it will probably be me. I'll go through  
19 some of the emergency response activities that  
20 might need to take place. I'll bring in the  
21 containment vessel that we use and I'll not  
22 only train our people, but I'll train these  
23 emergency response people in the use of this  
24 containment vessel.

25 MR. LANE: We do have, I believe, in the

1 Town -- which department has the Haz Mat Team?  
2 Do you know which one?

3 MR. LACIVITA: I honestly don't know.

4 MR. LANE: One of the Departments has  
5 their own -- it's West Albany which is way on  
6 the other side. That's good to know, though.

7 MR. JOHN: I just want to mention that  
8 we've met with the Fire Chief, Peter  
9 Lattanzio.

10 MR. LANE: I was going to mention that. I  
11 didn't see anything here from Peter that he  
12 had reviewed the plan.

13 MR. LACIVITA: During the original  
14 concept there were some comments.

15 MR. LANE: Okay, I wasn't here for the  
16 first meeting. I'm sorry.

17 MR. JOHN: We reviewed the Code with him  
18 and the Hazard Reduction Plan and so forth.

19 Just to inform the Board: this project  
20 has actually gone before the Building  
21 Department and the Building Department has  
22 done a complete review. It's gone through all  
23 of the Departments. That was one of the  
24 questions that I think the TDE had brought up.  
25 It actually has gone through that. The

1 Building Department is actually ready to issue  
2 us the building permit. The only item that we  
3 have remaining is actually action from this  
4 Board and actual permits.

5 MR. LANE: Just for my edification, why  
6 this particular site? Why this location?

7 MR. JOHN: It's the proximity and the  
8 availability. It's in an industrial area.

9 MR. LANE: There are quite a few in the  
10 area, though.

11 MR. NARDACCI: How many times have we said it?  
12 I'll say it again. Here we are in between  
13 Global Foundries and CNSE - the Nano  
14 College - and this corridor along Route 9 is  
15 prime for providers that ship. We want to  
16 embrace pushing more that we can place there.  
17 Not just the corporate folks that want to be  
18 in the headquarters, but when the zone is  
19 right -

20 MR. LANE: It was a curiosity question.

21 MR. NARDACCI: No, but it's exactly what  
22 I've been thinking. Part of the frustration on  
23 my part -- why did this take so long to come  
24 back? We had no problems on the night of the  
25 concept.

1 MR. LANE: This was back in February,  
2 right?

3 MS. DALTON: March.

4 MR. NARDACCI: It's an issue that's near  
5 and dear to my heart because I want to see the  
6 Town properly positioned so that we're getting  
7 those companies that want to take corporate  
8 space in that corridor. Also, companies like  
9 this - these down shoot suppliers - we're the  
10 first stop. We should be number one. We're  
11 right in the middle.

12 MR. LANE: There's nothing particularly  
13 special about this warehouse, is there?

14 MR. BARNETT: It's customer based.

15 MR. MULLER: When we did a study in the  
16 area, we looked at several sites and we looked  
17 at neighbors. On one side is safety clean and  
18 the other side is a little road. We really  
19 don't have any other neighbor. We have a  
20 neighbor beyond that. Across the  
21 street - there really isn't anything there.  
22 This is a decent sized lot for industrial use.

23 MR. BARNETT: Semi-conductor  
24 customers - when they want something, they  
25 usually want it right away. They don't want us

1 to say, well, we'll have it for you from  
2 Tennessee in a week. They want it tomorrow or  
3 the very same day.

4 MS. DALTON: How many jobs will be  
5 created here?

6 MR. JOHN: We'd like to say 50, but we're  
7 probably going to start with four. I've seen  
8 depots go up to twice that amount. It depends  
9 on how much we get into other types of  
10 business.

11 MR. ROSANO: How long before this  
12 building will outlive itself size-wise? That's  
13 my concern. To me, when Lou and I went up  
14 there, the first thing that I said was, it's  
15 an awful small building.

16 MR. JOHN: We're going to use one-third  
17 of it. So, we still have two-thirds there and  
18 that's a decent amount of what we need. We  
19 have some are in the back.

20 MR. ROSANO: Yes, I saw that.

21 MR. BARNETT: They're relatively small  
22 containers.

23 MR. ROSANO: It just seemed like a small  
24 building.

25 MR. BARNETT: Yes, they're relatively

1 small containers.

2 If you go to the next page, go down to  
3 paragraph three. I wanted to call that to your  
4 attention. The Compressed Gas  
5 Association - we've been a member and so  
6 forth.

7 You mentioned a couple of incidents and  
8 yes, we've had incidents. What we try to do is  
9 learn from those incidents. You mentioned one  
10 in California back in the 90's.

11 MR. LANE: They were incidents that I  
12 found -

13 MR. BARNETT: That's fair, but I want to  
14 point out that when something like that  
15 happens, you learn from it. You go back and  
16 you do an analysis and you make the changes  
17 that prevent it from reoccurring.

18 MR. ROSANO: I have a question. You  
19 monitor this building with computers. Inside  
20 the building, do you have battery back-ups for  
21 just the computers in case the power goes out,  
22 or are you going to rely on your main power  
23 source? We all know that computers can shut  
24 down.

25 MR. BARNETT: There are battery back-ups



1 for the computers.

2 MR. JOHN: There will be gas detection,  
3 fire detection, security systems and abatement  
4 systems. They're all backed-up, whether by  
5 generators or assisted systems.

6 MR. ROSANO: Thank you.

7 MR. BARNETT: And just to follow up,  
8 quickly on the design of this building - this  
9 outdoor storage building - the design of that  
10 building came about as a result of that  
11 incident in '96. If you look at the Compressed  
12 Gas Association and go into their publication  
13 areas, there is a publication G13 that's like  
14 70 pages long just on the storage of sylene. A  
15 good portion of the recommendations came as a  
16 result of that incident. We don't want to have  
17 those things happen.

18 MR. LANE: I don't know what the actual  
19 proximity is to the neighborhood.

20 Joe, what would the nearest residential  
21 property be to these folks?

22 MR. ROSANO: It's Fonda Road.

23 MR. LACIVITA: It's a good distance.

24 During the concept plan, I actually gave an  
25 aerial.

1           MR. LANE: I saw that, but I couldn't  
2 judge the distance that well.

3           MR. VOSS: About 1,600 feet.

4           MR. BARNETT: One more thing that I  
5 wanted to add is the activity in Matheson is  
6 very active in the Compressed Gas Association.  
7 A lot of the information that goes into these  
8 publications actually gets adopted by the  
9 regulatory agencies. So, if you look in your  
10 DOT and OSHA regulations, when they call it  
11 incorporated by reference, IBR - we're kind of  
12 proud of that.

13           MR. LANE: I don't have anything else.  
14 Thank you.

15           MR. BARNETT: Thank you. Any other  
16 questions?

17           MS. DALTON: The last time, we asked some  
18 questions about the monitoring and the  
19 regulatory agencies that were involved in  
20 oversight. I wondered if you could give us a  
21 little bit of information and explain.

22           MR. BARNETT: There is no one agency that  
23 looks over the Compressed Gas Association.  
24 However, like any industry, we have to comply  
25 with the EPA regulations. We have to comply

1 with OSHA regulations and because we're  
2 transporting our goods on a public highway, we  
3 have to comply with the DOT regulations. There  
4 are several that govern our activities.

5 MS. DALTON: We talked about the fact  
6 that you have onsite security.

7 MR. JOHN: We'll be monitored 24/7.

8 MS. DALTON: What are your response times  
9 if there is an event for someone to actually  
10 be there and deal with it?

11 MR. JOHN: Typically, what I've seen is  
12 the Police are typically the first on the site  
13 unless somebody lives right there and then  
14 they're there in like five minutes. Typically,  
15 we have one or two people that are there in  
16 like 20 minutes.

17 MS. DALTON: So, the Police are some of  
18 the first responders that you have in your  
19 training, or are you assuming that our Police  
20 are trained in this?

21 MR. JOHN: Well, there is Fire Services  
22 and the Fire Department, of course. They would  
23 be notified. Any one of those agencies would  
24 be first -

25 MS. DALTON: Right, so my question is:

1 Are you familiar with what the procedure was  
2 for those first responders and what the  
3 training procedures are? Do you need to step  
4 in and make sure that they are trained with  
5 what's going on in any facility in particular,  
6 or are you certain that whatever training that  
7 they've got before you get here is just fine?

8 MR. JOHN: What we have found in the past  
9 is that with a break-in, the Police usually  
10 check outside to make sure that nobody is in  
11 there. If there is a fire, depending on what's  
12 going on - if it's an actual flame, they try  
13 to push down the flame, but they usually don't  
14 go in until it's stabilized.

15 What we do with the Fire Departments is  
16 on a yearly basis we invite them in as part of  
17 our fundamental business to show them what  
18 we're doing and to educate them in what's  
19 going on. If they have turn over, we'd like to  
20 offer a sort of training that they may ask for  
21 along with some of the specials.

22 MS. DALTON: And if we ask for additional  
23 training, do you guys bear the cost of that or  
24 are we expected to pay for that?

25 MR. JOHN: In the past we have done the

1 training.

2 MR. BARNETT: I'd be glad to do any  
3 training you would need.

4 MR. JOHN: We've never seen it get  
5 costly. We don't buy any new equipment. They  
6 should have all that. We do all the  
7 informational stuff onsite; show them what's  
8 going on, videos and stuff that can be done.  
9 over the year. We have found that we have a  
10 real good communication, if something does  
11 happen, they're prepared.

12 MR. BARNETT: What I've done is when we  
13 start hiring people and have people come on  
14 board to do the training, I will bring in the  
15 subcontractor for SRS in this area and I'll  
16 have those guys come in and assist in the  
17 training. If there is any emergency response  
18 group that would like to sit in on it, they're  
19 more than welcome to. We'd be glad to have  
20 them.

21 MR. LANE: That would be strongly  
22 encouraged because as I said, our departments  
23 are volunteer. You will have turn over.

24 MS. DALTON: Are there requirements for  
25 you to coordinate with - like your evacuation

1 plan with anything like the National Guard or  
2 Department of Naval Military affairs or any of  
3 those?

4 MR. BARNETT: The National Response  
5 Center - if a certain amount of gas leaves the  
6 property for any reason, you have to contact  
7 the National Response Center, which is the  
8 Coast Guard -

9 MS. DALTON: What I'm trying to get here  
10 is I understand that our first line of defense  
11 is to make sure that nothing happens. But if  
12 something does happen, then every minute that  
13 something has gone on and someone is not there  
14 to deal with it is a minute that increases our  
15 risk. I understand that you have laid out very  
16 nicely that your company is all about  
17 mitigating risk. I understand that. Outside of  
18 your employees and your companies, it's us  
19 that have to figure out how to respond to the  
20 risk. I want to know if there are requirements  
21 for communicating with us and letting people  
22 know that -- the people that should know that  
23 you're there - letting them know that you're  
24 there and being engaged with them. Not just  
25 when you first arrive so that as we have

1 turnover, the first responders know the  
2 evacuations folks, whoever they may be. I  
3 don't actually know who they are, but they  
4 will know.

5 I've said this before. I'm really excited  
6 about this project. I think that, like Tom,  
7 I'm very excited about the economic  
8 development that can go on here. It needs to  
9 be in a planned and mindful manner.

10 MR. BARNETT: That's exactly what the  
11 Emergency Response Plan does. It lays it out.

12 MR. JOHN: The other point here that  
13 might be of interest is the likelihood of  
14 having several of these cylinders leak out is  
15 very, very unlikely; one let alone several.  
16 You can hit these things with a hammer, drop  
17 them -- they're very, very rugged. They're  
18 designed that way. These cylinders are built  
19 to do what they're supposed to do.

20 MR. LACIVITA: I'd like to ask one  
21 question. I'd like to piggyback off of where  
22 Kathy is going on the safety component.

23 If there is an event that happens in  
24 these bunkers, or whatever you want to call  
25 them - do you release a certain chemical? I'm

1           assuming that it's a powder chemical to  
2           whatever it might be to suppress whatever may  
3           come out.

4           MR. BARNETT: It depends on the gas, of  
5           course. If there is a leak, the gas is going  
6           to come out. There is a ventilation system  
7           that runs continuous and it takes the air from  
8           the storage area -- it's specified in the  
9           Code. It takes it through a hood, if you will,  
10          and then applies it into the scrubber system.  
11          Then, depending on the gas, we refer to the  
12          scrubber system which is a tank that has  
13          carbon and it actually absorbs the leaky gas  
14          onto the surface of the carbon.

15          If it's acid gas, it's going to be some  
16          type of material that's going to neutralize  
17          the gas so that the result of the air that's  
18          going out the stack is that there is no  
19          leaking gas because it's been contained in the  
20          scrubber system.

21          MR. LACIVITA: So, that was the layman  
22          explanation that I was looking for. Now the  
23          question becomes in transporting. Where it's  
24          going to be stored? What happens if you had an  
25          event there and it's outside the scrubber



1 component, how does that then work?

2 MR. BARNETT: That would also apply to  
3 any kind of transportation emergency. We're  
4 going to be loading these things on trucks all  
5 over the country. I keep mentioning Tennessee  
6 because that's where our main plant is. We're  
7 going to be transporting these gasses to all  
8 over the country, but some of them are coming  
9 right here to this specific facility; assuming  
10 we get approval. That's what we call an event  
11 in transportation.

12 That's where the ChemTrack comes into  
13 play. It senses or detects a leaking gas in  
14 transportation. There is an 800 number and  
15 that's ChemTrack. ChemTrack is in Washington,  
16 DC and they're manned 24/7 and they're going  
17 to get back in touch with the shipper. That's  
18 their goal. Matheson has a list of people with  
19 ChemTrack that are capable of dealing with  
20 emergencies in transportation. I say capable  
21 and that means that they in turn have names  
22 and numbers and people that they can get in  
23 contact with that can get the emergency  
24 response equipment to the site to detain the  
25 leaking cylinder.

1           Our leak check is very sensitive. Once  
2           that cylinder is packaged and the valves  
3           closed and leak checked, then we actually have  
4           a valve outlet cap that is a gas tight closure  
5           that we put on the outlet before it's shipped.  
6           So, it's a well designed and well contained  
7           package. Again, if something were to happen in  
8           transportation, we have a mechanism in place.  
9           All the chemical companies use ChemTrack. It's  
10          all the same. ChemTrack will get back in touch  
11          with the shipper.

12           ChemTrack is very good at finding the  
13          shipper, by the way. I've received their phone  
14          calls from all over the place. They will  
15          almost always find the shipper. If per chance  
16          they weren't able to get in contact with the  
17          shipper, we have another organization. It's  
18          part of the CGA. It's called CGEAP; Compressed  
19          Gas Emergency Action Plan. There is a book  
20          that goes along with CGEAP. Again, it's a list  
21          of phone numbers and people and emergency  
22          response equipment - ChemTrack has that same  
23          book. They have that same list of people. If  
24          they do get in contact with the shipper,  
25          they're going to go to that book and they're

**Legal Transcription**

1 going to find the closest emergency response  
2 team to the incident and they're going to call  
3 them. They're going to get somebody in touch  
4 with the people that they need to get in touch  
5 with to handle the incident.

6 CHAIRMAN STUTO: Do you have more to your  
7 presentation?

8 MR. BARNETT: No, sir. Do you have any  
9 questions?

10 CHAIRMAN STUTO: We'll have our Town  
11 Designated Engineer make his comments.

12 MR. VOSS: Essentially, when we looked at  
13 the project early on, we're looking at the  
14 site analysis and the site development pieces.  
15 It's a pre-existing building. The building was  
16 in an industrial use. We looked at it more in  
17 term of: Does the building need work? They  
18 have sewer and they have water.

19 If you look at our earlier concept review  
20 letter, we just listed some general items of  
21 concern. There was nothing critical with the  
22 site. The stormwater management area seemed to  
23 have some consistencies that we felt were  
24 adequate. Overall from a site plan standpoint  
25 and site plan, the project seems to work. The

1 site fits. Circulation is fine. Parking is  
2 fine.

3 We didn't address the actual contents of  
4 the building with the gas issues. That was  
5 more for the applicants to address and we felt  
6 that they probably did that pretty well  
7 tonight. Again, from a pure site plan  
8 standpoint, it seems to work. There are  
9 existing sewer connections and existing water  
10 connections. We had some minor stormwater  
11 comments on the old septic area in the back,  
12 but I think that Tom has been able to look at  
13 that and address those as they move forward.  
14 So, all things considered, the site from  
15 purely a site planning standpoint, it seems to  
16 be adequate at this point, anyway.

17 CHAIRMAN STUTO: Did you have concerns  
18 about dispersion study?

19 MR. VOSS: That was one of the questions  
20 early on that I think this Board mentioned.  
21 That's where some of our communications with  
22 the applicant and certainly with Joe's office  
23 I think led us to having them do a more  
24 detailed presentation to the Board.

25 If you remember early on, the Board had

1 questions about what happens off site? What  
2 happens if there is a catastrophic release?  
3 What if some of these components do get out?  
4 The Emergency Response Plan addresses how they  
5 deal with those things. This Board was, I  
6 think, asking for early on is what we're  
7 calling an offsite consequence analysis. What  
8 happens in the surrounding area if there is a  
9 release? How do you deal with that? How do you  
10 model that and where does that go? I think  
11 that the applicant's point of view was the  
12 level of material that they're having onsite  
13 didn't trip the federal requirements for an  
14 OCA. As this Board knows, it's certainly well  
15 within your purview to request special studies  
16 for any kind of project that you look at. This  
17 project seemed to rise a little bit of concern  
18 with some of the Board Members early on about  
19 what happens off site if there is a release?  
20 That's an issue that we have raised with the  
21 applicants. They have not supplied an OCA,  
22 although we've discussed it several times.  
23 That's kind of up for the Board to determine  
24 if you want to take it one step further and  
25 ask them for that information or proceed with

1 the information that they gave tonight, which  
2 was very thorough.

3 MR. NARDACCI: As far as the level that  
4 requires a federal study, what is that level  
5 versus what you have onsite?

6 MR. BARNETT: I'm showing him a sheet  
7 that we use to determine requirement for  
8 offsite consequence analysis. What we do is we  
9 look at our inventory total with the different  
10 chemicals. As you can see here, we have the  
11 quantity listed in pounds and then we look at  
12 the RMP. We're below the RMP, which indicates  
13 for this management plan which requires  
14 OCA -- we're below that so we don't require  
15 it.

16 MR. SULLIVAN: Who monitors those levels?  
17 If you happen to be below 1,000 pounds and you  
18 say you have 550, how do we know that?

19 MR. BARNETT: We'd be glad to let you see  
20 that. We would show you our inventory. We're  
21 not going to lie about that.

22 MR. NARDACCI: What are your  
23 consequences? Are there federal consequences  
24 if you're over that requirement?

25 MR. BARNETT: Of course, absolutely. We'd

1 be in violation of the law - there would be no  
2 way -- if we're over RMP levels, we're going  
3 to follow RMP to the letter.

4 MR. SULLIVAN: The reason that I ask is  
5 that there was a memo where we were talking  
6 about Arsene and various numbers were thrown  
7 around. There was 2,647 pounds, but there was  
8 a 1,000 pound threshold. Later on in the memo,  
9 it said that you believe that you can service  
10 your customers with 550 pounds stored onsite.  
11 In doing so, you no longer need the Risk  
12 Management Plan. To me, that seems rather odd  
13 that you said well, we'll get underneath 1,000  
14 pounds and we won't do a Risk Management Plan.  
15 That kind of threw up a red flag to me. I was  
16 concerned that you could be under 1,000  
17 pounds. No offense, but how do we know that  
18 you don't have 1,200 pounds? I guess that's my  
19 question.

20 MR. BARNETT: I guess the only way that I  
21 could answer is that obviously we're not going  
22 to falsify our records. If something were to  
23 happen and we were audited by a regulatory  
24 agency and they found that we had exceeded our  
25 limit and did not follow the regulations, we'd

1 be in big trouble.

2 MS. DALTON: Are those additional plans  
3 extremely expensive to have?

4 MR. ANDRESS: Let me sort of answer the  
5 question here. That was brought up with the  
6 amount of poundage of storage and we did have  
7 that discussion with Matheson Gas. They  
8 determined that they could keep the smaller  
9 amount in inventory and just be able to bring  
10 additional inventory as they needed it. As  
11 they said they are replenishing on almost a  
12 weekly basis. That's why we looked at it and  
13 they said we don't want to go to that  
14 threshold. The other question that I think was  
15 just brought up was that we're looking at a  
16 couple months study and potentially in the  
17 area of \$10,000. It's a very detailed study  
18 because it's at the next level of storage.  
19 Again, we had a lot of e-mail correspondence  
20 back and forth. I don't think that it was ever  
21 resolved so hopefully we can answer the  
22 questions regarding storage.

23 Of course, as you know, and it was  
24 mentioned in the very beginning - Matheson Gas  
25 is one of the many suppliers. Those other



1 suppliers are here. I'm not even sure. They  
2 may be in the Town of Colonie, but even if  
3 they're not, most of these products are at the  
4 manufacturing companies that are in the Town  
5 of Colonie, as well as all the other towns  
6 around. You have to remember that it's a  
7 distribution warehouse. They're just taking  
8 this product and putting it in someone else's  
9 inventory for the manufacturer. So, these same  
10 gasses and stuff are there and are probably  
11 handled very carefully, but I'm sure that not  
12 at the same level of care for the storage that  
13 Matheson Gas takes. The industry is obviously  
14 very concerned and they do a lot of storage  
15 for their gas.

16 MR. LACIVITA: To the point that you're  
17 talking about and Mike is talking about as  
18 well - is your building permitted and then you  
19 have to monitor it based on the volume that  
20 you're storing? Am I trying to make it too  
21 simplistic? If you have 10 bottles of this and  
22 this is the volume of it, does your permit  
23 allow you a cap on a given item? Do you carry  
24 XYZ component in a cylinder? Does your  
25 building have a permit that says that you can

1           only carry so many pounds of this?

2           MR. BARNETT: I don't think that the  
3 building has a permit. There are these numbers  
4 that are on the sheet -

5           MR. LACIVITA: Under the federal  
6 licensure?

7           MR. BARNETT: Yes.

8           MR. LACIVITA: So, that's where you  
9 monitor it? You have to keep track of what you  
10 have in inventory.

11          MR. JOHN: And we do that and report that  
12 against these thresholds that you see. If we  
13 trigger, they'll give us a call and say you're  
14 over and you have to reduce that.

15          MR. BARNETT: And as he mentioned, there  
16 is a cost associated with it and then a permit  
17 application from the EPA and so forth. It can  
18 be done. If we can get by with the amount that  
19 we've got, then why go through that?

20          The other thing to think about is that  
21 the OCA is going to track the IDLH from  
22 release. We're not going to have a release of  
23 IDLH. Our scrubber system is going to contain  
24 the product, if we were to have any kind of  
25 leak.

1           MR. SULLIVAN: I have another question.  
2           The Arsene terribly concerns me. I've read up  
3           on it. It kind of concerned me that it was  
4           considered as a chemical weapon in World War  
5           I. I'm concerned that as Joe alluded to, what  
6           happens outside of the scrubber system. What  
7           if it's a transportation accident and it's in  
8           the truck on its way up from Tennessee or it's  
9           from you facility going to Saratoga or if it's  
10          dropped in the parking lot? You said that we  
11          can call a 1-800 number, but what happens  
12          while the container is sitting there leaking?

13          MR. BARNETT: You're going to have to  
14          evacuate a specified area that's specified in  
15          your first response guidebook.

16          MR. SULLIVAN: That's why I was wondering  
17          would the risk management plan add to that? I  
18          want to make sure that is clearly defined and  
19          people know that you need to evacuate in a  
20          one-mile radius or -

21          MR. BARNETT: It's clearly defined in the  
22          emergency response guide.

23          MR. SULLIVAN: My concern is that if we  
24          have Volunteer Firefighters with a turn over,  
25          I want to know that if there is a leak that

1 people know what to do.

2 MR. BARNETT: If they call in and report  
3 that the Arsene truck is leaking, that report  
4 gets to me or anyone else in the ChemTrack at  
5 Matheson - if they don't know it, we're going  
6 to tell them. We're going to the emergency  
7 response book. In fact, you can pull it off  
8 the Internet as well and say, you need to  
9 evacuate a radius of this distance.

10 MR. SULLIVAN: My concern is that is  
11 going to take five or ten minutes and that  
12 cylinder is leaking.

13 MR. BARNETT: You're talking about a  
14 very, very, very remote possibility.

15 MR. JOHN: These cylinders are actually  
16 being transported right now and they're being  
17 transferred by Matheson Gas from their  
18 facility over in Massachusetts. They may not  
19 be unloading them at this site, but they're  
20 unloading them at some of the users in the  
21 area, as the other competitors of Matheson Gas  
22 are doing. While it's always nice to make a  
23 lot of scenarios, all those gas cylinders are  
24 floating around and sitting in different  
25 facilities right now.

1           MR. SULLIVAN: But if we're going to be  
2           headquartering it in Cohoes  
3           New York -- that's my problem. We're going to  
4           be a distribution center -

5           MR. JOHN: I think that the thrust of  
6           this presentation to you is the storage of the  
7           cylinders there. Other than the unloading that  
8           is an issue, what we're showing you is the  
9           storage of those cylinders. The scenarios that  
10          you're bringing up - if it's dropped or if it  
11          falls off a truck or something - that can  
12          happen anywhere in transportation.

13          MR. MULLER: These cylinders are designed  
14          for that.

15          MR. SULLIVAN: For vehicle impact?

16          MR. JOHN: Yes. They have threads on the  
17          top that protect the valve. That's all capped  
18          up. They can take abuse. They've been designed  
19          just for those reasons.

20          MR. SULLIVAN: I don't mean to single you  
21          out. I'm not saying that your facility is the  
22          only one transporting Arsene, but my concern  
23          is bringing it into the community and at a  
24          distribution center where you're taking it in  
25          and storing it and you're traveling on roads

1 through this Town. That's why I want to make  
2 sure that everyone knows what to - it concerns  
3 me that if it did happen -- say a semi T-bones  
4 you at an intersection. It's not your fault.

5 MR. JOHN: Yes, it will take that abuse.  
6 If we feel that this is not good, it's going  
7 to go to ChemTrack, but we're going to call  
8 them. We're going to say, hey, we think that  
9 you should evacuate the building. We're not  
10 sure, but we think that you should. The quick  
11 response that we're talking about is to get  
12 people away from our facility right away.

13 MR. BARNETT: It probably doesn't answer  
14 your question, but these are issues usually  
15 when you're opening and closing valves.

16 MS. VAIDA: Does the report that we were  
17 talking about before - is that the report that  
18 I've seen when I was doing a little research  
19 where they talk about the worse case scenario  
20 and they sort of lay out what could happen?

21 MR. BARNETT: The worse case scenario is  
22 the Hazardous Reduction Plan. We have the  
23 scrubber system and it's designed to handle  
24 release of any of the containers.

25 MR. JOHN: They are designed to absorb

1 all that material and keep the exhaust below a  
2 level that would be threatening to a human  
3 being.

4 MS. VAIDA: Aren't there studies that  
5 sort of show you in your particular community  
6 what the worse case scenario could be? An  
7 accident while you're bringing the stuff in?  
8 How far the gasses could spread?

9 MR. BARNETT: You're talking about the  
10 plume study?

11 MS. VAIDA: Yes, and then how you would  
12 respond to it. That might give people more of  
13 a comfort level to see it.

14 MR. BARNETT: I don't know it necessarily  
15 tells you how to respond to it, but you can do  
16 a plume study and the central portion of the  
17 plume is going to be the IDLH. That's part of  
18 the offsite consequence analysis. Again, our  
19 intension is to never have IDLH levels of gas  
20 leave our facility because of the scrubber  
21 system and the ventilation.

22 MR. JOHN: The IDLH level is the level at  
23 which it's a threat to a human being. The  
24 exhaust of the scrubbers is designed in the  
25 worse case scenario that it would never exceed

1           one-half of that level at the exhaust of the  
2           scrubber. So, if it were to go out beyond the  
3           bounds of the property, there would be many,  
4           many times below that level. So, you can see  
5           that it wouldn't be a threat to a human.

6           MS. VAIDA: That would then depend on  
7           whether or not the scrubber system is fully  
8           operable.

9           MR. JOHN: That's why we designed a  
10          number of features there. We have the gas  
11          detection system in the compartments. We also  
12          have the gas detection system on the exhaust  
13          of the scrubber being monitored so we would  
14          know if anything broke through the scrubber.  
15          We also talked about the emergency back-up  
16          systems with power and so forth to make sure  
17          that ventilation is running all the time.

18          MS. VAIDA: Have you ever had any of your  
19          facilities undergo a hurricane or tornado to  
20          see what happens?

21          MR. BARNETT: Yes.

22          MS. VAIDA: What happens?

23          MR. BARNETT: Well, being in Houston, I  
24          managed that facility for 16 years there. The  
25          standard practice for hurricanes is to secure



1 the cylinders with ropes, nested in groups of  
2 25 or 30, a couple rows - one on the top and  
3 one on the bottom. Then, you go home and take  
4 care of your family. Actually, Alicia in  
5 1981 - I don't think that we had any cylinder  
6 in the plant that was compromised.

7 MS. VAIDA: What is the worse accident  
8 your company has experienced?

9 MR. BARNETT: Since I've been with the  
10 company, the one that got a lot of press and  
11 really concerned us was the event that  
12 occurred in California in 1997. Fortunately,  
13 no one was hurt. No one was injured, but the  
14 fact that we had to have that happen was  
15 disturbing to us. We did a lot of  
16 investigation to determine the causes of it.  
17 Since I've been with the company in  
18 1981 - that's 30 years that there have been no  
19 fatalities. We probably have more OSHA  
20 recordable injuries related to handling the  
21 product - lifting and back injuries and things  
22 of that nature than we do gas explosion.

23 CHAIRMAN STUTO: I apologize for the  
24 lateness of this, but I do want to go back to  
25 our Town Designated Engineer.

1                   Can you go over the reasoning why we  
2 would want an OCA? I need your recommendation.  
3 I'm not a chemist here.

4                   MR. VOSS: I'm not a chemist here.

5                   The other question that I had was were  
6 any of your other facilities required to have  
7 an OCA as part of your site plan approval  
8 process? If so, what were those thresholds or  
9 why?

10                  MR. JOHN: I've been involved in two  
11 recent projects; one out in Colorado and  
12 Albuquerque, New Mexico. The answer to that is  
13 no.

14                  MR. VOSS: I know typically - I think  
15 that the Board has been a little nervous about  
16 what if scenarios. Certainly with a project of  
17 this nature, it steps outside of the normal  
18 bounds of the typical industrial product that  
19 we would see. Just because of the potential  
20 hazardous nature. The Board on many occasions  
21 has asked for special studies for many  
22 different projects of many different types.  
23 It's a routine thing that most Planning Boards  
24 in New York State ask for. Whether it's an  
25 OCA, or whether it's a traffic analysis that's

1 major, or it's an enhanced wetlands study;  
2 those types of studies are routine for Boards  
3 to ask for.

4 My recommendation would be to ask for the  
5 OCA, as a matter of due diligence. It's up to  
6 the Board to make the final decision. Again,  
7 it's another piece to help you make an  
8 informed decision about the potential issues  
9 associated with a facility like this.

10 MR. NARDACCI: I'm just going to make a  
11 comment. It's September.

12 MR. JOHN: We did submit the plan two  
13 days after - we submitted February 17<sup>th</sup> for the  
14 final plan.

15 MR. NARDACCI: Let's be honest here. It's  
16 September. We met in February. Are we just  
17 requesting this? In the e-mail, it seems like  
18 it's just requested last week.

19 MR. LACIVITA: No. It was requested right  
20 from the get-go, Tom - right through concept  
21 plan.

22 MR. JOHN: That's not true. We had  
23 e-mails through the correspondence and at one  
24 point in time we provided a lot of information  
25 and then all the sudden I believe that the TDE

1           came back looking at the inventory and said,  
2           you meet the requirement for this. That was a  
3           number of months into it.

4           MR. NARDACCI: Maybe I stand alone in  
5           this, but I'm going to express my opinion. I  
6           just don't see the necessity. I think the fact  
7           that February to September - I mean, what was  
8           the delay? We approved this unanimously at  
9           concept and then here we are. We're trying to  
10          work up against moving these projects forward.  
11          For us to say we should have this study and no  
12          one else requires it - the EPA doesn't require  
13          it, but we're the Town of Colonie Planning  
14          Board and we're going to require it? I just  
15          don't get it. It doesn't make sense to me. I  
16          think that it's onerous and it's unnecessary.  
17          I think that it sends the wrong message. I  
18          think that it sends the wrong message to Tech  
19          Valley that Colonie isn't a place to do  
20          business and I'm afraid of that. I think that  
21          we need to do a better job with companies that  
22          come to us that are downstream suppliers.

23          There are gasses listed on there that  
24          I've never heard of. I guarantee 100 percent  
25          that those gasses travel through this Town

1 every day, somehow, and you've never thought  
2 about it.

3 MR. JOHN: They're actually in the  
4 buildings of this Town.

5 MR. NARDACCI: We never thought about  
6 them and we've never cared about them, but now  
7 we want to have additional studies that aren't  
8 required by the EPA or that Albuquerque, New  
9 Mexico or Silicone Valley don't require.

10 MR. ANDRESS: We did note though when we  
11 submitted back to the Board - we submitted  
12 those two sites and we did the proximity of  
13 nursery schools and daycares.

14 MR. NARDACCI: This is an industrial  
15 zone. Industrial use in an industrial zone  
16 that's right next to the landfill - where else  
17 in the Town of Colonie would you put this  
18 thing? It's a stone's throw from this  
19 landfill. If I lived on that end of Town you  
20 know what I'd be concerned about? The  
21 landfill. That's what the residents should be  
22 more concerned about.

23 We all have propane cylinders on our back  
24 porches. When you have an emergency response,  
25 do you notify your neighbors if something

1 happens? If a tree falls on your propane  
2 cylinder do you notify the neighbors?

3 MR. SULLIVAN: Tom, did you read about  
4 Arsene online?

5 MR. NARDACCI: Yes.

6 MR. SULLIVAN: I disagree with you.

7 MR. NARDACCI: Well, you know what? We  
8 can agree to disagree. The fact is how many  
9 buildings does the Town have Arsene in?

10 MR. SULLIVAN: I don't know, but how many  
11 buildings have 550 pounds of it sitting in it  
12 like them?

13 MR. ANDRESS: That is used in the  
14 electronic industry, so it's sitting over at  
15 SUNY Nanotech and it's sitting over at RPI.

16 MR. SULLIVAN: My problem is that we're  
17 going to have trucks coming up three to five  
18 days a week from Tennessee -

19 MR. NARDACCI: They're already coming  
20 through Colonie.

21 MR. SULLIVAN: They don't go to Fonda  
22 Road every day. That's my problem.

23 MR. NARDACCI: Do they travel the  
24 Northway every day to go to Global Foundries?  
25 Does that cut through the Town? Yes.

1                   MR. SULLIVAN: You can guarantee that  
2 everyone is safe in the Town?

3                   MR. NARDACCI: I'm not going to guarantee  
4 anything. What I'm saying is that there are  
5 federal regulations that they are in  
6 compliance with.

7                   MR. ANDRESS: The Fire Department has  
8 looked at it and signed-off on all our plans  
9 including those studies. They're not concerned  
10 about it. Obviously, they're always concerned  
11 about it, but they signed-off to allow us to  
12 build a building. The only thing that we have  
13 is obviously the concerns that this Board has  
14 because we need the approval, or we can't  
15 build the building.

16                   MR. LACIVITA: Tom, even up to yesterday,  
17 I asked Mr. Lattanzio to give me the same  
18 conversations or the same things in writing  
19 that he supplied to you and I've yet to get  
20 them. One of the things that we can't do to  
21 act on the project is - Chuck and I weren't  
22 able to actually finalize SEQRA because I've  
23 yet to get from Mr. Lattanzio -- I've asked  
24 him several times for the conversations that  
25 he's had specifically with you guys. I've yet

1 to get something in my file. And you can look  
2 at it as it stands here. I've yet to get  
3 anything from him that allows us to complete  
4 SEQRA.

5 MR. ANDRESS: We provided you an e-mail  
6 from the Building Department showing that -

7 MR. LACIVITA: The Building Department is  
8 one thing. I was asking for Fire Safety,  
9 specifically.

10 MR. ANDRESS: The Building Department  
11 will not issue a building permit until Fire  
12 Safety has looked at it. We all know that. So  
13 the Building Department gave the list of the  
14 items that were remaining on there. It was the  
15 approval from this Board, and water and sewer.  
16 Those were the three items that the Building  
17 Department is waiting for to release. They  
18 won't release anything until they've gone  
19 through Fire Safety. We can't force someone to  
20 provide that to us, but at the same time I'm  
21 thinking that we've provided certainly  
22 adequate information for this Board to be able  
23 to act on an EAF for storage.

24 CHAIRMAN STUTO: I think that it's time  
25 to bring this to a head. I'm in favor of the



1 OCA. I don't think that we can finish SEQRA  
2 without it.

3 MR. JOHN: Can I make a comment about the  
4 OCA study, itself? The basis of the OCA would  
5 be the exhaust from the scrubbers and the  
6 exhaust in itself is one-half the IDLH level.  
7 That's what their designed for. That's the  
8 worse possible point coming out of that when  
9 you have the level that's a threat to a human  
10 being. So, any kind of dispersion study beyond  
11 that is going to be much much less than that  
12 in the boundaries of the property. By  
13 definition, we can go through the dispersion  
14 study, but by definition it's not going to be  
15 a threat beyond the exhaust of the scrubber.

16 MR. VOSS: Your starting premise is  
17 inaccurate because I think what they're  
18 concerned about is not a release out of your  
19 scrubber building; it's the release that's  
20 outside of that containment. If something pops  
21 off a truck or if someone backs into you in  
22 the parking lot and cracks a cylinder.

23 MR. JOHN: That's not part of that study  
24 so that wouldn't be included.

25 MS. VAIDA: Maybe you could explain

1 exactly what the study would cover so that we  
2 can understand what we're asking for?

3 MR. VOSS: If you have a catastrophic  
4 release on site, an OCA should technically  
5 cover that - if I'm not mistaken - based on my  
6 research. If you had a release in the building  
7 or if you had a release in one of the  
8 containment buildings that are scrubbered, or  
9 if you had a release in the parking lot, an  
10 OCA should be able to map that and show that,  
11 correct?

12 MR. JOHN: Yes.

13 CHAIRMAN STUTO: Okay, well we can't  
14 complete SEQRA tonight. I think that's  
15 correct. We can't take final action, so it's  
16 either a motion to adjourn or before that to  
17 inform the applicant that we'd like to have an  
18 dispersion study.

19 Is there any discussion on that matter?

20 I'm in favor of asking for a dispersion  
21 study.

22 MR. MION: I agree with Tom. I think that  
23 they've done everything else that we've asked.  
24 They meet all the rest of the standards. This  
25 is just one more additional thing. If we

1 wanted it, we should have asked for it back in  
2 February or March.

3 CHAIRMAN STUTO: Well, we did ask for it  
4 in March.

5 MS. VAIDA: I don't know if the Board has  
6 enough information at this point to even know  
7 what questions to ask.

8 We can say like if there was a leak  
9 outside the plant, what is the furthest or how  
10 far it could go - so that if we had that  
11 information and it shows that it would stay  
12 outside of the residential areas, maybe the  
13 Board wouldn't be as concerned. Is there a way  
14 to describe that?

15 MR. BARNETT: There would have to be a  
16 point in which we would assume that a release  
17 would occur.

18 MS. VAIDA: It probably depends on the  
19 type of gas.

20 MR. BARNETT: Well, it does. It depends  
21 on the gas and it depends on the weather  
22 conditions. It depends on a lot of things.  
23 They do a worse case scenario which is like  
24 you have a gas release and you have a  
25 dispersion study and then they do another one.

1           They do another modeling. I don't know the  
2           exact terminology, but it's a more realistic  
3           type of a release scenario. You do have a  
4           point and it will computer model generate a  
5           plume.

6           MR. ROSANO: You've done all those  
7           studies, but it would depend on which way the  
8           wind was blowing.

9           MR. BARNETT: I know.

10          MR. ROSANO: You don't have too much  
11          product. We're going to go above federal  
12          regulations? I think that we're going too far  
13          with this. I have to agree with Tom. We're  
14          over regulating ourselves to death here. We  
15          don't know which way the wind is going to  
16          blow.

17          MR. BARNETT: You're absolutely right.

18          MR. ROSANO: So, we're talking about  
19          something that may never happen. Now we're  
20          going to ask them to do a study on it? I'm  
21          sorry.

22          MS. VAIDA: I think that the Board needs  
23          to understand - I think what started the whole  
24          discussion was that the original application,  
25          in and of itself, triggered the federal

1 regulation requiring this offsite test. Once  
2 that was brought to their attention, they then  
3 decided to reduce the amount that was being  
4 stored there so that they wouldn't have to do  
5 the study. It's not like something that we  
6 just came up with.

7 CHAIRMAN STUTO: I think that we're at a  
8 point where we can make a decision. The  
9 engineer recommended it. Other people have had  
10 arguments against it.

11 We have a motion to require the OCA?

12 MR. SULLIVAN: I'll make the motion.

13 CHAIRMAN STUTO: Do we have a second?

14 ***(There was no response.)***

15 CHAIRMAN STUTO: I'll second it.

16 All those in favor?

17 MR. SULLIVAN: Aye.

18 CHAIRMAN STUTO: Aye.

19 All those opposed?

20 MR. NARDACCI: Nay.

21 MR. ROSANO: Nay.

22 MS. DALTON: Nay.

23 MR. MION: Nay.

24 MR. LANE: Nay.

25 CHAIRMAN STUTO: Okay, the nays have it.

1           We can't go forward with SEQRA right now  
2           until we get more information from Fire, is  
3           that right?

4           MR. ANDRESS: I guess we would like an  
5           explanation of that. Irregardless, we have a  
6           comment letter from Fire for DCC. They had all  
7           of this information. They have a comment  
8           letter saying that they have no issues.

9           MR. LACIVITA: DCC was when?

10          MR. ANDRESS: It was probably in November  
11          or December?

12          MR. LACIVITA: We had these two plans  
13          after DCC; that's my understanding.

14          MR. ANDRESS: I guess my question is if  
15          we provided this every time and everyone has  
16          copies of those -

17          MR. LACIVITA: Tom, I'm trying to give  
18          you a timeline and not be argumentative.

19          These two reports came after DCC and I've  
20          been after Mr. Lattanzio as to a report. He  
21          shared it with Matheson and he shared it with  
22          you. He never gave it to the Planning  
23          Department in order for us to finalize SEQRA.  
24          I asked him as of yesterday to get the report.  
25          If we can finalize SEQRA we can vote on the

1 project.

2 MR. ANDRESS: I don't see how we can be  
3 held responsible that he's not providing that.  
4 I think that you have enough information that  
5 you can act on SEQRA.

6 MR. NARDACCI: We need it in order to  
7 move forward.

8 MR. LANE: Our guys dropped the ball.

9 MR. NARDACCI: It's not your fault.

10 MR. LACIVITA: You said that you had  
11 correspondence.

12 MR. ANDRESS: We provided you the e-mail.  
13 I got an e-mail from the Building Department  
14 saying that these were the items remaining for  
15 the two -

16 MR. LACIVITA: And maybe I'm not being  
17 specific enough. Do you have comments from  
18 Fire Safety? I think that when we spoke about  
19 it on the phone, you said that it already went  
20 through with Peter Lattanzio on the project  
21 and everything is okay. I need something  
22 concrete that he studied the plans from Peter  
23 Lattanzio - not Building. Building is not the  
24 one issuing any permits here. I want to see  
25 something from Peter Lattanzio saying that

1           these plans have been reviewed. I know that  
2           the Board has reviewed them. Then, we can do  
3           the SEQRA on it.

4           MR. ANDRESS: I understand what you're  
5           saying, but the Building Department is the  
6           only one that issues the permit; Fire doesn't.

7           MR. LACIVITA: Correct, but I need  
8           something from Peter. I've asked and asked and  
9           asked and I don't have it. If I can get that  
10          from you or from Peter that says that they've  
11          looked at it -

12          MR. JOHN: The only documentation that I  
13          have is I sent copies of the Hazard Reduction  
14          Plan or the Emergency Response Plan to Peter.  
15          I've documented it in the letter and I have  
16          that correspondence.

17          MR. LACIVITA: The thing is that I'm  
18          cc'ed with those e-mails that I get it and I  
19          ship them off to Chuck to review. Yet, we've  
20          yet to receive a comment that he's reviewed  
21          it. I will push him again tomorrow so that we  
22          can move forward on the SEQRA to see if I can  
23          get this done.

24          CHAIRMAN STUTO: We'll get that done and  
25          we'll call this project back.



1           MR. NARDACCI: I have just a comment. I  
2 know that it's getting late. I just think that  
3 as a Board and as a Town, a Department,  
4 elected officials, we really should pay close  
5 attention to what's happening in the region  
6 with regards to high tech and who is coming  
7 down the pike. I think that this is the first  
8 of many that are going to be looking to  
9 Colonie. I would just like to see a quicker  
10 process for these reviews.  
11  
12  
13

14           *(Whereas the proceeding concerning the above*  
15           *entitled matter was adjourned at*  
16           *11:57 p.m.)*  
17  
18  
19  
20  
21  
22  
23  
24  
25

**CERTIFICATION**

1  
2  
3  
4            ***I, NANCY STRANG-VANDEBOGART, Shorthand***  
5            ***Reporter, New York State Approved Transcriber***  
6            ***and Notary Public in and for the State of New***  
7            ***York, hereby CERTIFY that the record taken by***  
8            ***me at the time and place noted in the heading***  
9            ***hereof is a true and accurate transcript of***  
10           ***same, to the best of my ability and belief.***  
11  
12  
13

14            -----  
15            ***NANCY STRANG-VANDEBOGART***  
16  
17

18            ***Dated September 22, 2011***  
19  
20  
21  
22  
23  
24  
25